

IN THE UNITED STATES DISTRICT COURT  
FOR THE WESTERN DISTRICT OF WASHINGTON  
AT SEATTLE

- - -

ABDIQAFAR WAGAFE, et : NO.  
al, :  
 : 2:17-cv-00094-  
Plaintiffs, : RAJ  
 :  
v. : CONFIDENTIAL  
 :  
DONALD TRUMP, President :  
of the United States, :  
et al., :  
 :  
Defendants. :

- - -  
Friday, October 30, 2020  
- - -

WebEx videotaped deposition of  
BERNARD SISKIN, Ph.D., pursuant to  
notice, was held beginning at 10:09 AM,  
on the above date, taken stenographically  
before Constance S. Kent, a Certified  
Court Reporter, Registered Professional  
Reporter and Notary Public.

\* \* \*

MAGNA LEGAL SERVICES  
(866) 624-6221  
www.MagnaLS.com



1 A P P E A R A N C E S:

2 AMERICAN CIVIL LIBERTIES UNION  
3 BY: HUGH HANDEYSIDE, ESQUIRE  
4 CHARLES HOGLE, ESQUIRE  
5 125 Broad Street, 18th Floor  
6 New York, New York 1004  
7 212.549.2500  
8 hhandeyside@aclu.org  
9 Counsel for Plaintiffs

6 UNITED STATES DEPARTMENT OF JUSTICE  
7 BY: LEON TARANTO, ESQUIRE  
8 VICTORIA BRAGA, ESQUIRE  
9 Civil Division, OIL  
10 P.O. Box 878, Ben Franklin Station  
11 Washington, D.C. 20037  
12 202.616.5573  
13 Leon.B.Taranto@usdoj.gov  
14 Victoria.M.Braga@usdoj.gov  
15 Counsel for Defendants

12 OFFICE OF THE CHIEF COUNSEL  
13 U.S. CITIZENSHIP AND IMMIGRATION  
14 SERVICES  
15 U.S. DEPARTMENT OF HOMELAND SECURITY  
16 BY: CAITLIN MILLER, ESQUIRE  
17 Associate Counsel, Litigation and  
18 National Security Coordination  
19 Division  
20 111 Massachusetts Ave., NW,  
21 Suite 3100  
22 Washington, DC 20529-2121  
23 202.272.1107  
24 catlin.e.miller@uscis.dhs.gov  
Agency Counsel for Defendants

21 OFFICE OF IMMIGRATION LITIGATION  
22 BY: LINDSAY M. MURPHY, ESQUIRE  
23 Senior Counsel for National Security  
24 Civil Division  
U.S. Department of Justice  
202.616.4018

1 ALSO APPEARING:

2 Brian Kipnis

3 Erik Davidson

4 Sameer Ahmed

5 Jessica Schau Nelson

6 Aashiq Jivani

7 Anne Donohue

8 Brendan Moore

9 Manning Evans

10 Jacob Uscinowicz, Video Specialist

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

- - -  
I N D E X

- - -

Testimony of: BERNARD SISKIN, Ph.D.		
By Mr. Handeyside		7
By Mr. Taranto		425
By Mr. Handeyside		427

- - -  
E X H I B I T S

- - -

NO.	DESCRIPTION	PAGE
Exhibit Siskin-1	Supplemental Expert Report of Sean M. Kruskol	96
Exhibit Siskin-2	Amended Report by Bernard R. Siskin, Ph.D., dated 7/17/20	97
Exhibit Siskin-3	Document Label External Vetting, Bates DEF-429774 through 429777	120
Exhibit Siskin-4	Responsive Report by Bernard R. Siskin, Ph.D., dated 10/13/20	353

1 - - -

2 DEPOSITION SUPPORT INDEX

3 - - -

4

5 Direction to Witness Not to Answer

6 Page Line Page Line Page Line

7 None

8

9

10 Request for Production of Documents

11 Page Line Page Line Page Line

12 None

13

14

15 Stipulations

16 Page Line Page Line Page Line

17 None

18

19

20 Question Marked

21 Page Line Page Line Page Line

22 None

23

24 THE VIDEOGRAPHER: Good

1 morning. We are now the record.

2 This begins Videotape No. 1  
3 in the deposition of Dr. Bernard  
4 Siskin in the matter of Abdiqafar  
5 Wagafe v. Donald Trump, President  
6 of the United States, et al.

7 Today is Friday,  
8 October 30th, 2020, and the time  
9 is now approximately 10:09 AM  
10 eastern time.

11 This deposition is being  
12 taken at WebEx virtual location.

13 The videographer is Jacob  
14 Uscinowicz of Magna Legal  
15 Services, and the court reporter  
16 is Constance S. Kent of Magna  
17 Legal Services.

18 Will counsel and all parties  
19 present state their appearances  
20 and whom they represent.

21 MR. HANDEYSIDE: Hugh  
22 Handeyside, American Civil  
23 Liberties Union on behalf of the  
24 plaintiff.

1 MR. TARANTO: Leon Taranto,  
2 Department of Justice, Civil  
3 Division, on behalf of the  
4 defendants.

5 MR. HOGLE: And this is  
6 Charlie Hogle, American Civil  
7 Liberties Union on behalf of  
8 plaintiffs.

9 MS. BRAGA: Victoria Braga,  
10 Department of Justice, on behalf  
11 of defendants.

12 THE VIDEOGRAPHER: Will the  
13 court reporter please swear in the  
14 witness.

15 BERNARD SISKIN, having been  
16 first duly sworn, was examined and  
17 testified as follows:

18 - - -

19 E X A M I N A T I O N

20 - - -

21 BY MR. HANDEYSIDE:

22 Q. Good morning, Dr. Siskin.

23 My name is Hugh Handeyside.

24 A. Good morning.

1                   Yeah, most of my -- most of  
2 work in all litigation is -- is in  
3 applied statistical analysis, what you  
4 can or cannot determine from the data,  
5 and it's typically analyzing the patterns  
6 of data and what the patterns will tell  
7 you or not tell you.

8                   Q.     Okay.   And then just to be  
9 clear, do you consider yourself an expert  
10 in national security?

11                  A.     No.

12                  Q.     Terrorism or counter-  
13 terrorism?

14                  A.     No.

15                  Q.     Intelligence?

16                  A.     Well, are you asking about  
17 my intelligence or an expert in  
18 intelligence?

19                         No, my specialty area and  
20 the expertise I have is applying data and  
21 I apply it various areas from healthcare,  
22 patent to employment to fair lending,  
23 analyzing what the data says about a  
24 particular topic, but I'm typically not



1 the subject matter expert in those  
2 topics.

3 Q. That makes sense.

4 So just to be clear, you  
5 also don't hold yourself out as an expert  
6 in foreign policy?

7 A. That's correct.

8 Q. Immigration?

9 A. That's correct.

10 Q. Or USCIS procedures?

11 A. That's correct.

12 Q. And have you ever testified  
13 or consulted in a case involving  
14 immigration issues?

15 A. Yes, case involving -- it  
16 was part of an employment case and it was  
17 involving the use of H1 visas.

18 Q. Do you recall the case name?

19 A. Somebody versus Info  
20 Systems.

21 Q. And were you testifying or  
22 consulting on behalf of Info System?

23 A. That's correct.

24 Q. Do you remember the time

1 information and to comment on whether or  
2 not the statistics were being used  
3 appropriately or whether they were not.

4 Q. Okay. And on your original  
5 report, which was dated February 28,  
6 2020, you didn't include the regression  
7 analysis that you included in your  
8 amended report of July 17, 2020; is that  
9 correct?

10 A. That's correct.

11 Q. Why didn't you include the  
12 regression analysis in your original  
13 report?

14 A. Well, as -- after that  
15 report, the discussion became clear that  
16 there was a big -- you know, big issue as  
17 to what was and was not said and what can  
18 or cannot be concluded from the fact that  
19 there was a disparate impact in the  
20 likelihood of being sent to -- referred  
21 to CARRP given that you were from a --  
22 born in a country which is predominantly  
23 Muslim population.

24 As a result, I really

1 started thinking about it, and that what  
2 would be useful data, a more detailed  
3 explanation of the difference between  
4 causation and correlation and to test to  
5 see whether or not there was any -- to  
6 the extent there was evidence that the  
7 disparate impact implied disparate  
8 treatment.

9 Q. Could you have included the  
10 regression analysis in your original  
11 report if you had wanted to?

12 MR. TARANTO: Objection,  
13 calls for speculation and lack of  
14 foundation.

15 BY MR. HANDEYSIDE:

16 Q. Let me rephrase it this way:  
17 Did you have all the data necessary to  
18 include it at the time you compiled your  
19 February 28th report?

20 A. I did not include it because  
21 I had not thought that was the  
22 significant major issue at the time. If  
23 I thought it was a significant major  
24 issue at the time, if it had been raised,

1 I could have included it.

2 Q. Because you had all the data  
3 that went into the regression analysis at  
4 the time of your February 28th report; is  
5 that right?

6 A. It existed at that point. I  
7 didn't -- did not look for it.

8 Q. I see.

9 Is there anywhere in your  
10 original, amended or responsive reports  
11 where you state the specific facts, data  
12 or documents that you considered in  
13 coming to your opinion?

14 A. Not -- basically most of it,  
15 no, is based on the data which was  
16 supplied and it says that in the report.  
17 And then I tried to, and I thought I did,  
18 any data or specifics that I was relying  
19 upon I put in the footnotes and cited.

20 Q. I see.

21 So to the extent that you  
22 considered anything aside from the data  
23 that you received from USCIS, you're  
24 saying that you cited it in the footnotes

1 security concern or institutions which  
2 are abetting, aiding national security  
3 issues.

4           It is -- that information  
5 can be generated from parties outside  
6 USCIS which they label third party, it  
7 could be generated from USCIS  
8 investigations themselves or some joint  
9 combination thereof to refer, and that if  
10 you're referred to the CARRP, they have a  
11 series and methodology of -- of more  
12 thorough investigations to verify that  
13 somebody is or is not really a national  
14 security concern and coordinations that  
15 are necessary if they are a national  
16 security concern.

17           Q.     Okay. And I -- I think I  
18 heard you reference KSTs and -- and  
19 that's a known or suspected terrorist,  
20 correct?

21           A.     Right.

22           Q.     What -- what is your  
23 understanding of what a KST is?

24           A.     There's a government process

1 identifying such people. That's about  
2 it.

3 Q. And do you have any  
4 understanding of the role of the federal  
5 watch listing system in USCIS's  
6 identification of applicants who may pose  
7 a national security concern?

8 A. It was explained to me once  
9 and I didn't really understand it and I  
10 don't really have an ex -- a real  
11 understanding of it.

12 Q. And when you say it was  
13 explained to you, was that in the context  
14 of this lawsuit?

15 A. In a conversation outside  
16 this lawsuit with my daughter.

17 Q. I see. And so you mentioned  
18 KSTs, known or suspected terrorists. Do  
19 you have any sense for within that  
20 designation how many of those people are  
21 known terrorists versus how many are  
22 suspended terrorists?

23 A. No idea.

24 Q. And so it doesn't sound like

1 Q. Okay.

2 A. And that's it. I'm not  
3 making any conclusions as to whether  
4 somebody was to -- if somebody was  
5 denied, whether he should have been  
6 denied, whether he wasn't denied. I make  
7 no statistical studies of anything of  
8 that level which would require  
9 potentially that type of information.

10 Q. Okay. But you do understand  
11 that people who are on the terrorist  
12 watch list are automatically applicants  
13 who are seeking either adjustment of  
14 status for citizenship and who are on the  
15 watch list are automatically considered  
16 KSTs and subject to CARRP, correct?

17 A. That's correct.

18 Q. Do you have any knowledge of  
19 the process for contesting placement on  
20 the watch list?

21 A. No.

22 Q. Do you have any  
23 understanding of the role of the FBI name  
24 check process in USCIS's identification

1 of applicants who may pose national  
2 security concerns?

3 A. As I said, it's irrelevant  
4 to my analysis. No.

5 Q. And you haven't reviewed any  
6 documents describing how that name check  
7 process works?

8 A. That's correct.

9 Q. And defendants didn't supply  
10 you with any information about the role  
11 of the name check process for use in your  
12 analysis?

13 A. No, and to point out in my  
14 report, the information that I needed,  
15 which they didn't supply me initially,  
16 was the question of what was the source  
17 of why somebody is going to be referred  
18 to so you can determine whether that  
19 source was from a third party, whether  
20 that source was USCIS because they wanted  
21 information with that.

22 So I did ask them to  
23 determine that for me and they went and  
24 designed a study to help determine that



1 Muslims -- applications from Muslim --  
2 predominantly Muslim countries were sent  
3 to CARRP was the result of USCIS's anti-  
4 Muslim bias.

5                   If the process of being sent  
6 to CARRP to a certain extent is outside  
7 of USCIS's discretion, and you pointed  
8 out, for example, if you're on the KST  
9 list -- KST list, you're automatically  
10 sent to CARRP, it's not a discretionary  
11 decision. So therefore, I wanted to know  
12 what percentage of the discretionary  
13 decisions were made by USCIS, how much  
14 were really made by third-party agencies  
15 outside their handling, and if they were  
16 made by USCIS, did they  
17 disproportionately appear to occur among  
18 applicants from Muslim countries as  
19 compared to applicants who are from  
20 countries which are not predominantly  
21 Muslim populations.

22                   I wasn't judging whether and  
23 I would be an inappropriate expert, it  
24 would be inappropriate for me to draw any

1 conclusion as to whether because someone  
2 is in the -- on the KST list is he likely  
3 to be a national security concern and  
4 therefore be vetted, are -- I have no  
5 idea whether that's the case. I  
6 wouldn't -- I have some personal opinion  
7 on that, but it's clearly not an expert  
8 opinion. And it would be inappropriate  
9 for me, therefore, to give an opinion or  
10 produce statistics which purport to  
11 support or not support that.

12 Q. So you deem it relevant  
13 whether the source of the information is  
14 internal or external to USCIS --

15 A. Because of what -- the issue  
16 that's being addressed. The issue being  
17 addressed is that -- the claim is that  
18 there is disparate impact on Muslims and  
19 was that because of anti-Muslim bias as  
20 people born in countries which were  
21 predominantly Muslim. There were -- that  
22 would answer and help address that  
23 question.

24 Q. Okay. And we'll get to that

1 in a little bit.

2 But if it's relevant where  
3 the information comes from, I just want  
4 to understand why it's not relevant how  
5 that information is generated if it's  
6 external to USCIS?

7 A. You have a rule that says if  
8 somebody is on the KST he's going to be  
9 put in CARRP. That's a policy decision.

10 Q. And -- and whose policy  
11 issues is that?

12 A. USCIS.

13 Q. Okay.

14 A. USCIS, okay? Policy experts  
15 can debate whether that's a good decision  
16 or not. Okay? And that issue might be  
17 to point out, you know, is it reasonable  
18 to do that, is it reasonable to rely on  
19 KST, why are you doing that, why that's  
20 your rule. Okay? It's not  
21 discretionary.

22 We know that impact it has  
23 from the data, so what is there to study?  
24 It's a policy. So there's nothing I can

1 still be determined to be a national  
2 security concern.

3 Q. What's your understanding of  
4 USCIS's criteria for identifying people  
5 who may be non-KST, national security  
6 concerns?

7 MR. TARANTO: Objection,  
8 lack of foundation.

9 THE WITNESS: That's what  
10 CARRP investigations are supposed  
11 to determine. I don't -- and I'm  
12 not an expert on the CARRP  
13 policies. I'm the wrong person to  
14 be asking. I don't -- it's not  
15 relevant to what I'm doing.

16 BY MR. HANDEYSIDE:

17 Q. Okay.

18 A. In terms of, you know, my  
19 analysis.

20 Q. Okay. Earlier in describing  
21 the CARRP process, I think you did  
22 mention indicators that USCIS uses.

23 A. Right.

24 Q. Do you have any

1 whether he really is, in which case he  
2 then would be non-KST, national security  
3 determined you didn't articulate the  
4 linkage or you may conclude that I was  
5 wrong and when we really get the  
6 investigation, this person is not who I  
7 thought he was and he really wasn't a KST  
8 or he really wasn't a non-security  
9 concern and he becomes a non-security  
10 concern and gets referred back to the  
11 normal vetting process and taken out of  
12 CARRP and adjudicated outside of CARRP.

13 Q. Okay. Do you have any  
14 understanding what of those indicators  
15 are specifically?

16 A. No.

17 MR. TARANTO: Objection,  
18 lack of foundation.

19 BY MR. HANDEYSIDE:

20 Q. Have you reviewed any  
21 documents describing USCIS's criteria for  
22 identifying people who may be non-KST  
23 national security concerns?

24 MR. TARANTO: Objection,

1 lack of foundation.

2 THE WITNESS: If it was in  
3 the deposition that I read, I  
4 would have skimmed right past it  
5 because it wasn't relevant.

6 BY MR. HANDEYSIDE:

7 Q. Okay. And do you have an  
8 understanding how USCIS attempts to  
9 resolve whether someone is or is not a  
10 national security concern?

11 MR. TARANTO: Objection,  
12 lack of foundation.

13 THE WITNESS: Other than  
14 that's what the CARRP program is  
15 supposed to do.

16 BY MR. HANDEYSIDE:

17 Q. Specifically what steps  
18 USCIS takes in doing that?

19 A. No, I wouldn't the person to  
20 ever analyze that from a -- that's a  
21 policy issue, procedure issue. That's --  
22 subject matter experts in that area would  
23 be relevant, not me.

24 Q. Okay. Let -- let's look at

1           adverse consequences to their  
2           investigation."

3                   Did I read that correctly?

4           A.     Yes.

5           Q.     What are the adverse  
6           consequences that you're referring to  
7           there?

8           A.     That's simply what I was  
9           told, that it could affect their --  
10          adversely affect their investigation.

11          Q.     You don't have any further  
12          details about what those adverse  
13          consequences might be?

14          A.     Correct.

15          Q.     And you -- you didn't rely  
16          on any documents in making that  
17          statement?

18          A.     No, I relied on the  
19          information that I was supplied meeting  
20          with people who are knowledgeable in the  
21          process, and if that is an issue, you  
22          would have to be defended and explained  
23          by people who are knowledgeable in the  
24          process and subject matter experts, not

1 referring to, Counsel.

2 BY MR. HANDEYSIDE:

3 Q. Well, let me rephrase.

4 It seems that under both

5 types, the error results when an

6 application is approved, and I understand

7 that you're -- we're talking not about an

8 adjudicative error, we're talking about,

9 you know, for -- for the purpose of this

10 analysis what constitutes an outcome

11 error, but in both scenarios it's an

12 approval.

13 I'm wondering, can a denial

14 also constitute an outcome error under

15 your typology?

16 A. No.

17 Q. And why is that?

18 A. Because in this simple model

19 of an outcome, if you're sent as a

20 national security concern, the outcome

21 should be -- the outcome would be

22 negative. So if you're approved, the

23 assumption would have been you would have

24 been approved if you had been sent



1 through the other way. Okay?

2 If you're denied, you would  
3 have been denied in either case, and if  
4 you were denied because you were a  
5 national security concern, then you were  
6 denied. If you're denied in error, you  
7 would have been denied in the error in  
8 the other.

9 So the error is that you  
10 would have been approved, but you could  
11 have been approved faster. So the error  
12 being sent to CARRP is that it takes  
13 longer to get approved. Okay?

14 The error sending somebody  
15 not to CARRP, okay, is they get approved.  
16 That's the error there because he should  
17 have been denied. Okay? So -- and these  
18 errors are really two different types of  
19 errors. The error relating to the  
20 outcome of being sent to CARRP is you get  
21 approved so that the problem -- the error  
22 is it took too long to be approved.

23 The error occurring when you  
24 send somebody in the routine process who

1 has national security concerns is he  
2 should have been denied but you approve.  
3 Okay? If you're trying to get to a  
4 different type of error, okay, we would  
5 have no way of knowing that because we  
6 don't know who is actually a national  
7 security concern that should have been  
8 approved, should have been denied and who  
9 was sent to -- who was not sent to CARRP  
10 who was actually a national security  
11 concern and should have been denied.

12 So we're -- we're  
13 approximating the delay on one side that  
14 shouldn't have taken place because he was  
15 accepted anyhow, to the error -- the  
16 error, which is the unmeasurable on the  
17 other side of that being approved when he  
18 should be denied.

19 Q. Okay. I think I understand  
20 that.

21 I -- I guess I -- in order  
22 to make sure I understand, let me just  
23 ask this: Does this -- does this  
24 typology that you've selected assume that

1 if someone is denied through CARRP, that  
2 the denial is warranted?

3 A. Yes.

4 MR. TARANTO: Objection to  
5 the question as vague.

6 THE WITNESS: Yeah, the  
7 assumption here is if you're  
8 denied benefits, the decision is  
9 correct.

10 BY MR. HANDEYSIDE:

11 Q. Okay. And -- I don't want  
12 to cut you off. Go ahead.

13 A. Okay. The assumption is if  
14 you're denied in CARRP, the decision is  
15 correct.

16 Q. Okay. And so the -- the  
17 typology does not account for the  
18 possibility of an inappropriate denial  
19 through CARRP?

20 MR. TARANTO: Objection,  
21 vague as to what is meant by  
22 inappropriate.

23 THE WITNESS: The assumption  
24 is that the denial that takes

1 place in CARRP is -- nothing is  
2 ever always 100 percent perfect,  
3 but it's correct. There's a basis  
4 and it's correct.

5 BY MR. HANDEYSIDE:

6 Q. Okay. And so there isn't  
7 room in that typology to characterize an  
8 instance where someone is eligible for a  
9 benefit, is not a national security  
10 concern, is nonetheless referred to CARRP  
11 and is denied?

12 A. Well, if he was -- he would  
13 have been denied in the routine process,  
14 you're correct. Your assumption would  
15 have been he was correctly denied in the  
16 routine. Because if he was not a  
17 national security concern, they know that  
18 he's supposed to be sent back to the  
19 routine process.

20 Q. Okay. So --

21 A. But he's -- but he's denied.  
22 The assumption is that that's a correct  
23 decision.

24 Q. Okay. So it would have --

1 so if somebody would have been granted --  
2 under the routine process is eligible, is  
3 referred to CARRP and is denied, do you  
4 have a means of accommodating or  
5 characterizing that through your  
6 typology?

7 A. In the typology it's not an  
8 outcome error.

9 Q. It's not an outcome error.

10 A. And again, as I said in the  
11 report, we're not talking about here is  
12 the process right, was the ultimate  
13 decision correct. That's beyond the --  
14 the decision.

15 Q. I'm just trying to  
16 understand what the assumptions are in --  
17 in coming to that --

18 A. Okay.

19 Q. -- dichotomy.

20 On page 13, just above  
21 that -- that bottom paragraph that you  
22 read earlier, I note that you say:

23 "The purpose of CARRP is to  
24 review" -- sorry.

1 this an exhibit?

2 MR. HANDEYSIDE: It is.

3 We'll mark this as Exhibit 3.

4 (Exhibit No. Siskin-3,  
5 Document Label External Vetting,  
6 Bates DEF-429774 through 429777,  
7 was marked for identification.)

8 BY MR. HANDEYSIDE:

9 Q. Dr. Siskin, are you familiar  
10 with this document as far as you can  
11 tell?

12 A. No, I'm not familiar with  
13 it.

14 Q. Are you familiar with what  
15 USCIS calls external vetting?

16 A. Not specifically, no.

17 Q. Are you familiar with the  
18 term lead vetting?

19 A. No, not specifically.

20 Q. All right. And if -- if we  
21 can just take a moment and scroll through  
22 so that you can familiarize yourself with  
23 this document. It's not very long, and  
24 just let -- let us know if you're ready

1 is not -- doesn't -- in my opinion  
2 does not make that an error.

3 BY MR. HANDEYSIDE:

4 Q. Okay. Is it your  
5 understanding that it's okay to deny  
6 someone who is a national security  
7 concern?

8 MR. TARANTO: Objection,  
9 lack of foundation, calls for  
10 speculation and calls for legal  
11 opinion.

12 THE WITNESS: I do not have  
13 an opinion on that directly, but I  
14 think as a layperson, and my  
15 understanding is if somebody is a  
16 national security concern, that  
17 may be a reason why you would not  
18 give him citizenship or not give  
19 him a benefit. I would be  
20 surprised if it isn't, but it -- I  
21 don't -- as I said, that's not my  
22 expertise area. I can't really  
23 answer that question.

24 BY MR. HANDEYSIDE:

1 I'm not the expert that could say, hey,  
2 this is this cost, and this is the cost  
3 of Type 1 and this is the cost of Type 2.

4 Q. Okay. So for the purpose of  
5 this cross benefit analysis that you've  
6 done here, the assumption is that there  
7 is a very serious cost of failing to  
8 refer an applicant who is a national  
9 security concern?

10 A. Well, there's two questions  
11 that you're asking.

12 When I say "if," I'm saying,  
13 yeah, the cross benefit assumes that  
14 otherwise you wouldn't have done this  
15 decision. You wouldn't have this program  
16 ever set up if that were not the case,  
17 somebody didn't make that decision. But  
18 I'm not the one that made that decision  
19 or I'm not the one who studied whether  
20 that decision is on what the basis for  
21 that decision was made.

22 Q. That's fair.

23 Did the defendants provide  
24 you with any information relevant to that



1 statement?

2 MR. TARANTO: Objection,  
3 Counsel, vague. I'm not sure  
4 exactly which statement you're  
5 referring to here.

6 MR. HANDEYSIDE: I'm talking  
7 about the statement that there is  
8 a very serious cost of failing to  
9 refer an applicant who is a  
10 national security concern.

11 MR. TARANTO: Thank you.

12 THE WITNESS: Let me answer  
13 that two ways: One is, no. The  
14 direct answer to your question,  
15 no, they did not. Nor would I ask  
16 them for it or expect them to say  
17 it.

18 The statement that I make  
19 that it is a high cost is based on  
20 the fact that the program exists,  
21 so therefore, my assumption is  
22 that the program would not have  
23 been developed without there being  
24 that kind of cost. There would

1 have been no reason for it. But  
2 the actual assessment and so forth  
3 is not something that I did nor  
4 would I be the appropriate person  
5 to do that, and I make -- I  
6 generally put "if," but I let it  
7 slide sometimes because my -- I  
8 think given the fact that the  
9 program exists and was set up that  
10 somebody obviously believes  
11 there's a high cost to it, and if  
12 there wasn't, they would never  
13 have set up this program.

14 BY MR. HANDEYSIDE:

15 Q. Okay. Thank you.

16 And similarly on --

17 referring here again to page 15 at the  
18 bottom, the last sentence on the bottom  
19 there, if you could just read that.

20 Is it fair to say for the  
21 purpose of your report, you assume that  
22 the relative costs of failing to identify  
23 national security concerns is vastly  
24 greater than the cost of delaying

1 But I would assume, and I'm the  
2 wrong person to ask, I would  
3 assume when they say national  
4 security concern, it means that  
5 there are indications that there's  
6 a finite probability, more than  
7 zero probability, that that person  
8 might be involved in a future or  
9 is now might be aiding, abetting  
10 or involved in -- in fraudulent  
11 activities or terrorist  
12 activities.

13 BY MR. HANDEYSIDE:

14 Q. So but a possibility?

15 A. Yeah.

16 Q. That somebody has or will do  
17 something in the future?

18 A. Right. And -- and the  
19 assumption is that the people that are  
20 considered national security concerns  
21 have a -- the average probability of  
22 those people likelihood occurring is  
23 going to be much higher, significantly  
24 higher than if I just randomly selected

1 ten people off the list and said, these  
2 people, what's their likelihood.

3 Q. And again, you -- you have  
4 no specific information one way or the  
5 other as to whether or not that  
6 assumption is -- is true or not?

7 A. No, and -- and as I said in  
8 my report, I said that's the assumption  
9 which statistically says the CARRP  
10 program may be valid, not that it is  
11 because it depends on what those numbers  
12 are and the policy, et cetera. But  
13 that's -- that's a requirement. That's  
14 the -- if that's not true, then you --  
15 then you have a problem and a lot of --  
16 most of what I read in a lot of the  
17 complaints about the CARRP program is the  
18 high falsehood terrorist rate, which has  
19 nothing to do with that statement as to  
20 what the likelihood of the screening  
21 device is and how good the screening  
22 device is.

23 So those arguments which  
24 seem to be consistently made as to why

1 it, and that depends on the frequencies,  
2 what you're trying to screen for.

3 Q. And --

4 A. That benchmark helps you but  
5 not necessary.

6 Q. Okay. Can you -- can you  
7 assess the, you know, the -- the -- I  
8 don't -- I know reliability is a term of  
9 art, the validity of these tests without  
10 an estimation of how frequently COVID  
11 occurs in the population?

12 A. You have to make some sort  
13 of estimate when you're trying -- the  
14 question is twofold: For the purpose of  
15 determining could this test work, is the  
16 screening device working, the answer is  
17 no, as long as it separates the screening  
18 device works to some extent.

19 Whether the screening device  
20 is worth it or not involves really a lot  
21 of assessments of the policy in terms of  
22 the costs associated with each error, the  
23 frequency of making the errors because  
24 you're trying to make a cost benefit

1 unless whether the program is worth it.  
2 That's a difficult question. That  
3 requires a lot more information or  
4 assumptions about what's going on, what  
5 you're doing.

6 Q. Okay.

7 A. That's really well beyond my  
8 expertise. That's really the question  
9 which requires the people that are  
10 developing this process, what they --  
11 what they were thinking, how they were  
12 assessing it, does what they were doing  
13 make sense.

14 Q. Okay. So if you have -- if  
15 you have reason to believe that COVID is  
16 an extremely rare disease, is that  
17 relevant to assessing the validity and  
18 reliability of the tests for COVID?

19 A. Sure.

20 MR. TARANTO: Objection,  
21 vague.

22 THE WITNESS: Think -- think  
23 of New Zealand. They got it down  
24 to zero but they recognize the

1 would have had less of an impact.

2 BY MR. HANDEYSIDE:

3 Q. Okay. All right. Now, just  
4 moving on to some of your statements on  
5 page 3 there where you state that one of  
6 the bases for your opinion that CARRP is  
7 not biased against applicants from Muslim  
8 majority countries is that, and here I'm  
9 quoting:

10 "The vast majority, over  
11 95 percent, of referrals to CARRP  
12 for applicants who are born in a  
13 majority Muslim country are based,  
14 at least in part, on third agency  
15 information."

16 Is that a correct statement  
17 of your opinion?

18 A. Correct.

19 Q. And do you know what form  
20 this third agency information takes?

21 A. No, it's just information.

22 All I got was an indication.

23 Q. Okay. And we --

24 A. You didn't mention as to

1 what the course was, whether it was a  
2 sole source, and whether there was more  
3 than one source, whether it was the first  
4 source.

5 Q. I see. Yeah. And we did  
6 talk about this earlier and -- and I  
7 don't want to repeat anything that we did  
8 earlier, I just, you know, I want to make  
9 sure I understand that defendants didn't  
10 provide you with any information about  
11 the form that that third agency  
12 information takes?

13 A. Right.

14 Q. Okay. What is the  
15 significance in your opinion, excuse me,  
16 of USCIS basing referrals to CARRP on  
17 third agency information?

18 MR. TARANTO: Objection,  
19 vague.

20 THE WITNESS: If the source,  
21 and particularly if the primary  
22 sole source, the first source is  
23 coming from a third agency, then  
24 the source of the data is not



1 being specific enough.

2 It -- it's not your  
3 understanding that some other agency is  
4 telling USCIS what to do with these KST  
5 applicants, correct?

6 MR. TARANTO: Objection,  
7 lack of foundation, calls for  
8 speculation.

9 THE WITNESS: I have no idea  
10 how the policy is set up. I would  
11 assume that USCIS, it's their  
12 policy, so they're the decision  
13 maker in that respect.

14 BY MR. HANDEYSIDE:

15 Q. Okay.

16 A. It's -- what I'm saying is  
17 the instructions are that the operation  
18 of that is nonjudgmental. If you're on  
19 the list, you're in CARRP.

20 Q. Okay. And the decision  
21 about -- about making that non-  
22 judgmental, that was USCIS's decision,  
23 right?

24 MR. TARANTO: Objection,

1 Q. Okay. And I want to -- I do  
2 want to come back to the -- the  
3 correlation, and you know, the -- your  
4 selection of the variables for your  
5 regression analysis. But I -- I want to  
6 go back to what we just read, the theory  
7 underlying your selection of this  
8 variable for the regression analysis,  
9 which, as you said, is the theory that  
10 the more terrorist events that occur in a  
11 country, the more likely it is that an  
12 applicant from that country will have  
13 some association with terrorist actors  
14 and activities, thereby increasing the  
15 likelihood that the applicant would be  
16 identified as a national security  
17 concern.

18 And -- and what I wanted to  
19 sort of get to initially as the threshold  
20 matter is, do you know whether CARRP  
21 referrals are, in fact, based on some  
22 association with terrorist actors or  
23 activities in the applicant's home  
24 country as to some -- as opposed to some

1 other basis?

2 A. Oh, no.

3 MR. TARANTO: Objection,  
4 calls for speculation, lack of  
5 foundation.

6 But you may answer if you  
7 can.

8 THE WITNESS: No, this could  
9 be the same thing that we were  
10 observing strongly and more  
11 predictive than the correlation  
12 with the percent Muslim. It may  
13 be that these are -- I mean, these  
14 factors predict. That's not a  
15 theory, that's -- that's science,  
16 okay? They do predict. The  
17 question is: Is it causal or is  
18 it correlation?

19 I am not saying that this  
20 causal. I picked this variable  
21 because I thought it might be  
22 correlated better with factors  
23 which really matter than actually  
24 the percent Muslim. Okay?

1 I don't have and I have not  
2 done the type of study that one  
3 might want to do, which is getting  
4 information on all the factors  
5 that actually were being reviewed,  
6 I'm not sure I can get that data  
7 or that data exists, okay, to  
8 build a causal model. Okay?

9 And -- and that's a problem  
10 that people have, and it's always  
11 an issue that can't always be  
12 solved. We can build models and  
13 we build predictive models all the  
14 time, and it's easy to build  
15 predictive models, it's much  
16 harder to build causal models.  
17 The kind of metrics -- you know, I  
18 guess typically you need a lot of  
19 data -- a lot of the data which  
20 causes things aren't available.

21 Causal models are better,  
22 actually more reliable predictors  
23 over time because they're actually  
24 measuring the process, but

1 because I assumed I couldn't really get  
2 those. I mean, I would have said, give  
3 me the racial mix of the country of  
4 origin for everybody on the terrorist  
5 list, KST. Okay? I couldn't find that  
6 in the data anywhere and I looked online  
7 and I couldn't get that. You know,  
8 there's -- there's some things you might  
9 think about as causation, which I assume  
10 is not going to be available at all, at  
11 least I don't have access to it. Okay?

12 So then I think what type of  
13 variables are more likely, just like  
14 percent Muslim, to be correlated to show  
15 that, you know, I could find another  
16 variable that's correlated that's not  
17 really Muslim population, and this is one  
18 that popped into mind because of my  
19 feeling that it might be correlated. So  
20 this was a theory that it might be  
21 correlated because of this -- there may  
22 be a link, the real factors may be  
23 correlated with this factor and they may  
24 be more strongly correlated with this

1 factor than simply the percent Muslim in  
2 the population. Okay?

3 And it turns out I was  
4 right, okay? But I do not conclude, and  
5 I not concluding, and this study would be  
6 as invalid as the study which is --  
7 relies on the fact that there's a  
8 correlation between Muslim and percent  
9 Muslim, saying, ah, that's what causing  
10 it. I'm not saying that.

11 I'm just saying that this is  
12 a factor which is correlated to the  
13 outcome, not necessarily causal, I'm not  
14 making that statement, I'm not  
15 necessarily even inferring that. I think  
16 it's unlikely that it is directly causal,  
17 though it may be correlated with it, and  
18 that it illustrates that this is a factor  
19 which is more significantly correlated,  
20 much more significantly correlated, much  
21 more predictive than the percent Muslim  
22 that the country is. And in fact, once  
23 you know this, the percent Muslim is not  
24 predictive at all.

1                   And that goes to just simply  
2     the evidence, which I think it's pretty  
3     impressive evidence, that -- that there's  
4     not -- the data that exists doesn't  
5     really show a pattern that the percent  
6     Muslim of a country is the factor that's  
7     being considered. Okay? Not it that it  
8     doesn't have a disparate impact, but it's  
9     not a disparate treatment issue. There's  
10    no -- the data is inconsistent with the  
11    concept that that's the factor that's  
12    causing the referrals, because if it was,  
13    then it wouldn't disappear when and I  
14    would control for another factor, which  
15    is not the population Muslim.

16                   Q.     Okay.   Again I want to make  
17    sure I understand the scope of what  
18    you're opining on.

19                   Let's -- let's imagine that  
20    100 percent of referrals to CARRP are, in  
21    fact, based on, say, FBI letterhead  
22    memorandum that it has to do with  
23    applicants', you know, conduct inside the  
24    United States.   Is that scenario still

1 possible according to your analysis?

2 MR. TARANTO: Objection,  
3 lack of foundation, and object as  
4 vague.

5 THE WITNESS: Let me make  
6 sure I understand your question.  
7 It's an interesting question.

8 Is it saying suppose it  
9 was -- suppose the only crucial  
10 factor, the factor which  
11 determines whether you're going to  
12 be sent to CARRP is this FBI  
13 message, okay, and everybody that,  
14 quote, fails this investigation  
15 goes to CARRP, if you don't fail  
16 the investigation, you're not sent  
17 to CARRP. That's the hypothetical  
18 you're giving me?

19 BY MR. HANDEYSIDE:

20 Q. Right. In other words, it  
21 has nothing to do with -- with one's  
22 activities back in the home country. As  
23 a hypothetical.

24 A. What I would say is that --



1 these type of statistical results could  
2 still occur, would occur under the  
3 condition that the decisions made by the  
4 FBI in doing this investigation, okay,  
5 resulted in results which were correlated  
6 with and would be correlated with both  
7 percent Muslim of the country the  
8 person's from, because we know there's a  
9 correlation, and it would be correlated  
10 with the percent of reported incidents of  
11 terror in the home country.

12 And I would tell you -- I  
13 can also tell you that it's more -- more  
14 greatly correlated with the -- the number  
15 of incidents in the home country than it  
16 is with percent population, and while the  
17 investigation may have nothing to do with  
18 either of these variables, it does, in  
19 fact, have a correlation.

20 Q. Okay.

21 A. So there's got to be some  
22 indirect link to be that other  
23 correlation. In other words, when rain  
24 effected both, you can link, well, rain

1 affects the game being canceled, rain  
2 affects some links. So there's some link  
3 to the percent Muslim, more realistically  
4 there's a -- there's some link to the  
5 degree of -- of terrorist activities in  
6 the country, but the investigation may  
7 not in any sense measure that directly or  
8 even in -- it may be picked up indirectly  
9 because, you know, A causes B which  
10 causes C, and they're looking at C and B  
11 but not A. A's affecting B which in turn  
12 affects C. So you can get all these  
13 different causal links, and that's why,  
14 as I told you, as a common, it's much  
15 more harder to build a causal model.

16 Q. Yeah.

17 A. You have to -- but you've  
18 got to be much more careful in trying  
19 to -- in what you interpret when you see  
20 a predictive model.

21 Q. Okay. And so nothing about  
22 your findings forecloses the scenario  
23 that I put forth; is that right?

24 MR. TARANTO: Objection,

1 vague.

2 THE WITNESS: No, your  
3 scenario could -- could be true  
4 and the data would be -- would not  
5 disprove that.

6 BY MR. HANDEYSIDE:

7 Q. Okay. In -- in running your  
8 analysis on -- particularly using this  
9 variable, would it have been helpful to  
10 have information about the extent to  
11 which or the -- let me rephrase -- the  
12 nature of the information that does, in  
13 fact, prompt referrals to CARRP?

14 MR. TARANTO: Objection,  
15 vague.

16 THE WITNESS: What I think  
17 you're saying, what I actually  
18 said, if I could get the data on  
19 all the actual processes, yes, it  
20 would be more informative. I  
21 could build a causal model as to  
22 what's causing the disparate  
23 impact.

24 BY MR. HANDEYSIDE:

1 Q. Okay.

2 A. And if you're -- and more  
3 importantly, typically what happens in  
4 these circumstances, somebody says this  
5 is the practice that's causing it,  
6 causing this disparity, and then I try  
7 and get information on that practice and  
8 then we can determine whether that  
9 statement is true or not.

10 So if you narrowed it down  
11 further and then, you know, it would help  
12 to do that type of study.

13 Q. Okay. And then did the  
14 defendants provide you with any  
15 information about the nature of the  
16 information prompting the referrals?

17 A. Well, back up. I'm not  
18 sure. The -- I asked them if they're  
19 challenging any specific practice and  
20 they said no. And I think that's a  
21 footnote in my report that I was not -- I  
22 didn't find or anybody told me there's  
23 any specific practice being challenged.

24 I think I did ask about

1 whether -- what type of data could -- you  
2 can get on KST and I think I was told,  
3 you know, that type of data that I was  
4 asking for was not readily available.

5 Q. And then what about the  
6 rest, for referrals that are not based on  
7 KST status?

8 MR. TARANTO: Objection,  
9 vague. Question is incomplete to  
10 the extent that you may be asking  
11 about information provided by  
12 counsel. What you're allowed to  
13 inquire into is strictly limited  
14 by Rule 26. If it's material that  
15 he reviewed or relied upon for his  
16 report, you're entitled to know  
17 that. But if it's not, then  
18 you're on a fishing expedition and  
19 seeking what you're not entitled  
20 to.

21 MR. HANDEYSIDE: Why  
22 don't -- why don't I just  
23 rephrase?

24 BY MR. HANDEYSIDE:

1                   And just to close out this  
2                   issue, I'm also wondering if in  
3                   formulating the parameters for the  
4                   regression analysis or in executing the  
5                   analysis, you reviewed the non-KST  
6                   indicators that we discussed earlier that  
7                   USCIS uses for CARRP referrals in  
8                   designing that analysis?

9                   A.       No.   It wouldn't be  
10                  relevant.

11                 Q.       Okay.  And is it -- is it an  
12                  assumption that's built into your  
13                  regression analysis that some referrals  
14                  are indeed based on applicant's potential  
15                  association with terrorist actors or  
16                  activities in your -- in their home  
17                  countries or -- or is that not an  
18                  assumption?

19                 A.       Again, it was an assumption  
20                  that I used to try and pick this variable  
21                  as an illustration.  Okay?  I was trying  
22                  to find a variable which I thought would  
23                  have predictive correlation, not causal,  
24                  but predictive correlation.  And the

1           A.     I think I asked somebody is  
2     the terrorist watch list information  
3     public information, can we get the names  
4     and addresses, you know, country of  
5     origin of people on that list, and I  
6     think I was told no, it's not public  
7     information. It may have been -- you  
8     never remember in today's world.

9           Q.     Sure. Okay. And then in  
10    terms of the second variable, the number  
11    of applications from persons born in that  
12    country, is that just a variable that  
13    seemed a natural choice?

14          A.     Yeah, that's a variable you  
15    have and it seems obvious it can be  
16    correlated.

17          Q.     Okay. And then the third  
18    variable we already discussed, and then  
19    the fourth is whether that country was  
20    deemed a state-sponsored terror --  
21    terrorism. How did you arrive at the  
22    decision to use that variable?

23          A.     I looked at the data and  
24    being the first, I thought I saw that I

1 [REDACTED]  
2 [REDACTED]  
3 thinking, and you know, why, you know, so  
4 I thought maybe -- that's a variable we  
5 might want to put in to explain it, and  
6 actually, as I pointed out when it was  
7 raised, the question, I put it in because  
8 it lowered the correlation, I didn't want  
9 to confound it with terrorist events into  
10 percent Muslim. It seemed that it might  
11 be an issue in its own right and it was.

12 Q. Okay. So in -- aside from  
13 the content of the terrorist watch list,  
14 did you consider using any other  
15 variables aside from these four?

16 A. No. There was another  
17 public -- if I remember right, there was  
18 another public list on terrorism, and I  
19 decided it was -- it wasn't really  
20 valuable for the illustration to put both  
21 in. It wasn't clear that that was -- it  
22 wasn't clear -- I remember it was clearly  
23 not as well documented as the University  
24 of Maryland list. But other than that, I



1 very few degrees of freedom for  
2 the other variables.

3 BY MR. HANDEYSIDE:

4 Q. Is that something that you  
5 considered doing?

6 A. No.

7 Q. Why not?

8 A. I wasn't interested in age  
9 and sex in this case, I was interested in  
10 determining what the effect is of the  
11 predictive ability of percent Muslims  
12 when you control for another factor which  
13 you think is going to be predictive and  
14 correlated to both outcomes.

15 Q. Prior to the -- prior to  
16 your preparation of this report, did you  
17 have any experience with the global  
18 terrorist database?

19 A. No.

20 Q. And just for the purposes of  
21 discussion I'm going to refer to it as  
22 the GTD if that's okay.

23 A. That's fine.

24 Q. And in your report, starting

1 database presents -- gives them.

2 Q. Right. So what I'm asking  
3 is then did you -- did you enter in  
4 search parameters into the GTD, check the  
5 results and determine whether or not  
6 incidents that were categorized as  
7 incidents of terrorism were, in fact,  
8 incident of terrorism and not incidents  
9 of genocide, insurrection, insurgency or  
10 civil unrest?

11 A. No, of course not.

12 Q. Why not?

13 A. That might be relevant if  
14 you're trying to make this a causal  
15 model. It's not a causal model, it's a  
16 predictive model. I never viewed this as  
17 a causal model. So my concerns of this  
18 database of inaccuracy focused really  
19 on -- only on one issue, and this is an  
20 issue which I guess if you think about  
21 building a model for predictive purposes,  
22 okay, if there's errors in the data and  
23 they are unreliable, random, mixing  
24 people with other people, if what -- if

1 third line up, you say:

2 "One would expect that since  
3 the countries with the largest  
4 numbers of CARRP referrals tend to  
5 be more authoritarian and less  
6 developed, the data for countries  
7 with many referrals to CARRP  
8 should show an undercount of  
9 the" -- "of the number of attacks  
10 which would likely understate the  
11 reporting of terrorist events."

12 Is that right?

13 A. Correct.

14 Q. Okay. And so I'm just going  
15 to start with the first part of that, the  
16 statement that the countries with the  
17 largest number of CARRP referrals tend to  
18 be more authoritarian and less developed.

19 How did you assess the  
20 extent to which certain countries are  
21 authoritarian and less developed?

22 A. I didn't do a scientific  
23 study of that, I just looked at them  
24 saying, look at the countries that they

1 tend to be, not -- they're not first-  
2 world countries, they tended to be third-  
3 world countries, they're also be  
4 countries that I'm familiar with that  
5 often have dictatorships.

6 Q. Okay. So this is your  
7 subjective assessment of --

8 A. Right.

9 Q. -- the extent to which  
10 they're authoritarian or less developed?

11 A. Right.

12 Q. And was there anything --  
13 any -- any material or documentation that  
14 you relied on for that assessment?

15 A. No.

16 Q. Which countries fall in this  
17 category, do you recall?

18 A. [REDACTED]  
19 [REDACTED]  
20 [REDACTED]

21 there was -- it a lot of the major  
22 countries that were either dictatorships  
23 or not -- not highly developed countries.

24 Q. Okay. Well, let's talk --

1 A. Footnote 65.

2 Q. So the GTD includes in its  
3 limitations the statement or a statement  
4 along the lines of what you've included  
5 here in Footnote 71?

6 A. Yeah, they talk about the  
7 problem of the lack of reporting because  
8 of the countries being underdeveloped and  
9 not having good news coverage, media  
10 coverage, because this is -- they get  
11 their data from public sources, and the  
12 problems with authoritarian countries  
13 which will suppress the news and  
14 incidents.

15 Q. Do you know which countries  
16 fall into this category such that  
17 terrorist events are less likely to be  
18 reported there?

19 A. No, I don't think they were  
20 making this as a blanket statement that  
21 that occurs in ever circumstance in every  
22 country. They're just saying -- their  
23 statement was this is a tendency. And  
24 I'm saying, if it is a tendency, I would

1 think that if you look at the countries,  
2 and this country is auth -- which are  
3 dictators, I have trouble with that word  
4 for some reason, and which are  
5 underdeveloped countries, I think you'll  
6 find that they are probably less likely,  
7 clearly not all, but less likely to be  
8 predominantly majority Muslim countries.  
9 I mean they're more likely.

10 To the extent that they're  
11 equally likely to be Muslim and non-  
12 Muslim, it has no effect. The effect  
13 would be to lower it in the same  
14 direction either. The only way it would  
15 go in the other direction is if -- if in  
16 fact the disproportionate number of  
17 developed countries in this con -- world  
18 and the ones run by dictators are  
19 disproportioning countries that are  
20 predominantly not Muslim, and I don't  
21 think that's the case.

22 Q. Do you know if the GTD or  
23 the folks that run the GTD consider the  
24 role of social media in the reporting

1 impact in referral?

2 A. No, we know the disparate  
3 impact --

4 MR. TARANTO: Objection,  
5 argumentative. Objection,  
6 argumentative.

7 I'm -- I'm sorry to speak  
8 over you. Go ahead.

9 THE WITNESS: No, and I'm  
10 saying the converse, I'm saying I  
11 found the disparate impact, I  
12 agree with the disparate impact.  
13 I'm trying to determine from the  
14 limited data we have whether  
15 there's any reason to believe that  
16 that is causal. And I'm saying  
17 this database, and I listed all  
18 the characteristics of this  
19 database, the most certain thing  
20 is this doesn't support the  
21 argument that that impact is  
22 causal. It supports only the  
23 argument that there's an impact  
24 being caused by other factors

1           which are correlated with both  
2           being sent to CARRP and being from  
3           a Muslim country.

4           BY MR. HANDEYSIDE:

5           Q.       I -- okay. I appreciate  
6           that clarification.

7                        Did you perform a regression  
8           with any other specifications than the  
9           version indicated in your amended report?

10          A.       I know the first one was  
11         messed up, but that's because the  
12         specification was messed up. It made no  
13         sense. It was -- it was a coding error.

14                       But other than that, no, I  
15         just started with a very simple model and  
16         showed what I needed to show. I wasn't  
17         trying to get to a causal model. I knew  
18         that was a waste of time.

19                       I mean, I did run the  
20         separate models and I ran the model as a  
21         rank model as I pointed out.

22          Q.       Okay.

23          A.       I did run it with and  
24         without -- I did run it with and without



1 Q. Okay.

2 A. I left it in because the  
3 question is there's a logical reason to  
4 test it.

5 Q. Okay. And that --

6 A. And you let the data decide  
7 what the answer is.

8 Q. Okay. That's what I wanted  
9 to know, the logical reason. That's --  
10 that -- it was your own logic, right?

11 A. Correct.

12 Q. Okay. And do you -- how  
13 many countries were designated as state  
14 sponsors of terror at the time you wrote  
15 your amended report?

16 A. Four.

17 Q. Do you know if that number  
18 is still the same?

19 A. I think they removed one.

20 Q. In the intervening period?

21 A. Yeah, they're requesting  
22 removing one now I think. I read  
23 something about that.

24 Q. I'll represent that that's

1 Sudan?

2 A. Sudan.

3 Q. What's your understanding of  
4 how a country comes to be designated as a  
5 state sponsor of terrorism?

6 A. The State Department does  
7 it. Don't ask me how.

8 Q. Okay. And I won't ask  
9 because I understand you won't know.  
10 Okay. Correct? You don't know anything  
11 specific about the process?

12 A. No, correct, I do not.

13 Q. And do you have anything --  
14 any understanding of how a country ceases  
15 to be designated as a state sponsor of  
16 terrorism?

17 A. No.

18 Q. And you don't know anything  
19 about how Sudan recently came to an  
20 agreement for its removal from the list?

21 A. No.

22 Q. Do you know if any countries  
23 were added to or removed from the list of  
24 state sponsors during the period of

1 your -- that your analysis covered?

2 A. There was some -- I did look  
3 and I forget, but I think the answer is  
4 no, they were removed before, right --  
5 one country was removed before -- before  
6 the period, but during the period, I  
7 don't think they added or -- or increased  
8 one. Added or added (sic).

9 There's also North Korea  
10 which is on the list, but there's no --  
11 there's no applications removed.

12 Q. Okay. And what -- what  
13 was -- just to be clear, what was the  
14 period of your analysis?

15 A. 2013 to 2019.

16 Q. Okay. Now, if a country or  
17 more than one country had been removed or  
18 added to the list, in other words, if the  
19 content of the list had changed during  
20 that period, could you have tested the  
21 effects of that removal or addition?

22 A. Sure.

23 Q. Does a country's designation  
24 as a state sponsor of terrorism speak to

1 presents when he does not control for the  
2 percent when the application was applied,  
3 okay, which he ultimately does, but  
4 originally he doesn't, okay, wouldn't  
5 change any of what I concluded because I  
6 didn't use that data that way. But other  
7 people have, I think Mr. Ragland points  
8 to it as -- as an example of -- of the  
9 response to the litigation, which is  
10 wrong and it's a misinterpretation of  
11 that table and exactly what I was  
12 concerned about.

13                   Ultimately I think, in terms  
14 of the findings that we ultimately get, I  
15 think there really is agreement here.  
16 There's no disagreement that there's a  
17 disparate impact in terms of being  
18 referred to CARRP from countries with  
19 dis -- which are predominantly Muslim  
20 population countries. Okay? There  
21 really is no difference in our  
22 conclusions that given you're in CARRP or  
23 going to be referred to CARRP, it is  
24 going to take longer for you to be

1 processed whether you're going to be  
2 approved or denied and to be adjudicated,  
3 and given you're in CARRP, you're more  
4 likely to wind up being denied than if  
5 you're not referred to CARRP. Okay?

6 We disagree whether that is  
7 a treatment or impact and in terms of  
8 impact, what Dr. -- excuse me,  
9 Mr. Kruskol does not go to is the fact  
10 that given you're in CARRP, there's no  
11 difference in outcomes, there's no  
12 difference in being referred -- being  
13 kept pending, there's no difference in  
14 being denied, there's no difference in --  
15 in source of referral, there's no  
16 difference in -- in likelihood of being  
17 approved and so forth, the speed in which  
18 you're approved and so on.

19 But the basic three findings  
20 that we are in agreement with, and its  
21 problems don't -- don't -- ultimately  
22 when its puts in the controls, agree is  
23 that there's a disparate impact, CARRP  
24 takes longer to be approved or denied,

1 does that influence the -- the decision  
2 on whether the -- the instrument is worth  
3 using?

4 A. Sure. If you're going to  
5 judge it, you've got to consider what are  
6 the costs, A, to the individual, what's  
7 the cost to the agency in doing it? I  
8 mean CARRP is more expensive than the  
9 normal vetting process I would assume,  
10 more mass -- time intensive, mass person  
11 intensive, staffing intensive, so there's  
12 a cost to the agency as well.

13 But then there's a gain,  
14 okay? And that's the gain if it's  
15 working that you're going to minimize the  
16 goal of CARRP, which I don't believe, as  
17 I said, is to find the terrorists, but to  
18 be able to identify people who are  
19 actually national security concerns so  
20 they can be handled properly. And  
21 somebody has got to be making a judgement  
22 of the weighting of those costs, no  
23 question.

24 Q. Okay.

1           A.    That's going to tell you  
2 whether this program is good or bad, not  
3 simply the false positives.

4           Q.    And I understand that  
5 that -- that you are not that person,  
6 right?

7           A.    You are correct, I am not  
8 that person.

9           Q.    Is it possible for an  
10 instrument to reduce the false positive  
11 rate while still leaving the false  
12 positive rate so high that the process is  
13 not useful?

14          A.    If you lower the false --  
15 it's a little tricky because if you lower  
16 the false positive and false negative  
17 rate, which you can do if you have a  
18 better device and better information.  
19 Okay? If they're both better, you can  
20 lower both, that would be a better  
21 device. That doesn't mean either one of  
22 them on a cost -- cost basis is -- is  
23 effective. Okay? Clearly the one with  
24 the lower error rates is going to be more

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

CERTIFICATE

I HEREBY CERTIFY that the witness was duly sworn by me and that the within deposition is a true and accurate transcript of the stenographic notes of the testimony given by the witness.

*Constance S. Kent*



Constance S. Kent, CCR, RPR, CLR  
Certified Court Reporter  
Registered Professional Reporter  
Certified LiveNote Reporter  
and Notary Public  
Dated: November 10, 2020

(The foregoing certification of this transcript does not apply to any reproduction of the same by any means, unless under the direct control and/or supervision of the certifying reporter.)





1 ACKNOWLEDGMENT OF DEPONENT

2

I, Bernard Siskin, do  
3 hereby certify that I have read the  
foregoing pages, 1 - PGS, and that the  
4 same is a correct transcription of the  
answers given by me to the questions  
5 therein propounded, except for the  
corrections or changes in form or  
6 substance, if any, noted in the attached  
Errata Sheet.

7

8 Bernard Siskin 12/9/2020  
WITNESS NAME DATE

9

10

Subscribed and sworn  
11 to before me this  
9th day of December, 2020.

12


My commission expires: May 10, 2023

13

14 Eric Siskin  
Notary Public

15

16



17

18

19

20

21

22



1       -----  
          ERRATA  
2       -----

3

4	PAGE	LINE	CHANGE FROM	CHANGE TO	REASON
5			See Attached		
6					
7					
8					
9					
10					
11					
12					
13					
14					
15					
16					
17					
18					
19					
20					
21					
22					
23					
24					

www.MagnaLS.com

866-624-6221

Seven Penn Center  
1635 Market Street - 8th Floor  
Philadelphia, PA 19103

**Case: Abdiquafar Wagafe et al. v Donald Trump, et al.**

<u>Page</u>	<u>Line</u>	<u>Now reads</u>	<u>Should read</u>	<u>Reason for change</u>
20	22	patent to employment	patent infringement to employment	clarity
22	12	whether or not motions were denied	whether or not promotions were denied	typo
35	22	I-45	I-485	misspoke
36	9	I-45	I-485	misspoke
47	11	transcends	translates	misspoke
50	2	so incomplete or so meaningless	so incomplete it is meaningless	clarity
50	14	that it would be useful	that it would not be useful	misspoke
53	24	two are and you're adding.	two things are, and you're adding	clarity
54	22	out, it is, and if you do it	out, it is, if you do it	clarity
54	24	can control for low long they	can control for how long ago they	clarity
55	7	and it's	and it's not	clarity
56	6	you're left open	you've left it open	clarity
56	7	either misconstrued, where they're saying	misconstrued. If you're saying	clarity
56	13	can say that.	should say that.	clarity
56	18	think you should be doing that. It's an	think you should be doing that, as an	clarity
68	16	CSR	CRS	misspoke
70	6	denied, whether he wasn't denied.	denied or whether he shouldn't have been	clarity
71	21	information with that.	information about that.	clarity
71	23	determine that for me and they went	determine that for me and they did and I	clarity
72	10	would have been relevant	would not have been relevant	clarity
74	19	bias as	bias against	clarity
92	17	articulate and link	articulate a link	clarity
92	23	might be, and as part	might be. And, as part	clarity
93	4	or you may	or if you may	clarity
93	4	conclude that I was	conclude that it was	clarity
93	6	is not who I	is not who you	misspoke
100	3	an ENY manual	an FDNS manual	misspoke
132	12	shows any most bias,	shows any Muslim bias,	typo
134	11	if that occurred,	if that did not occur,	clarity
143	13	cross benefit	cost/benefit	clarity

**Case: Abdiqafar Wagafe et al. v Donald Trump, et al.**

<u>Page</u>	<u>Line</u>	<u>Now reads</u>	<u>Should read</u>	<u>Reason for change</u>
20	22	patent to employment	patent infringement to employment	clarity
143	17	somebody didn't make that decision.	somebody did make that decision.	clarity
143	20	decision is on what	decision is correct, or what	clarity
148	12	expertise	expert	typo
150	9	time there's no	time they are saying there is no	clarity
151	7	it's	it could be	clarity
151	11	you can't say it's not justifiable.	you can't simply say it's not justifiable.	clarity
153	7	statistic	statistically significant	clarity
153	8	statistic	statistically significant	clarity
154	10	terrorist	terrorists	clarity
162	3	that screens them out, obviously,	that screens false positives out better,	clarity
162	7	that's really good. If you're	that's really good with respect to false positives. If you're	clarity
162	11	The first test	This test if indicates positive	clarity
162	17	disease. If	disease (false negatives). If	clarity
162	18	can still have a high probability	can still have	misspoke
162	19	if you have it and therefore it	it and therefore it	misspoke
162	22	processes focusing only on the	screening tests focusing only on the	misspoke
170	9	better screening.	better screening device.	typo
177	21	their	they're	typo
177	24	to be referred.	to be referred from that country.	clarity
179	10	judgmentally	judgment	clarity
182	1	course	source	typo
192	24	day	processing	clarity
202	18	very questionable practical	practical significance	clarity
206	9	favorite group, and the favorite group	favored group, and the favored group	clarity
206	10	favorite group	favored group	clarity
212	21	9 -- countries	countries	clarity
219	18	subsequent	in subsequent	clarity
220	16	inherit	inherent	typo
230	19	to	do	typo

**Case: Abdiqafar Wagafe et al. v Donald Trump, et al.**

<u>Page</u>	<u>Line</u>	<u>Now reads</u>	<u>Should read</u>	<u>Reason for change</u>
20	22	patent to employment	patent infringement to employment	clarity
231	1	to test that which	to test that, which	clarity
231	10	median	mean	misspoke
233	12	20 time -- point time 2,	20 times -- point times 2,	clarity
246	7	is that a correlation?	is what causes that correlation?	clarity
246	8	they come together	they move together	clarity
246	19	it's not being	effect. The correlation is not measuring	misspoke
246	20	caused by the correlation, but it's being	a causal link between the variables. The correlation is being	misspoke
247	6	correlation between	causal link between	clarity
247	21	but really	but higher academic salary	clarity
247	23	But truly is a correlation	A correlation	misspoke
247	24	but true, is one that I did as -- with	that I did with	misspoke
248	23	obviously rain.	obvious causal factor - rain.	clarity
251	18	it correlation.	it predictive correlation	clarity
251	23	which really matters than actually	which causally matter more than	clarity
252	12	We can build models and	We can build causal models and	clarity
253	2	better over time because you can't	better because you can't	clarity
253	16	of	of being from a Muslim country.	misspoke
253	19	that's clearly a type of problem	that's clearly that type of a problem	clarity
258	15	inferring	implying	clarity
258	17	with it, and	with a causal factor, and	clarity
259	6	is the factor that's	is the causal factor that's	clarity
259	13	when and I	when I	clarity
259	15	is not that population Muslim	is not that percent of population that is	clarity
261	22	indirect link to be that other	indirect link other than causal	clarity
261	24	effected	affected	typo
262	2	affects some links. So there's some link	affects corn crops. So there's some causal link	clarity
262	4	some link to the	some causal link to the	clarity
262	6	but the investigation may	but the correlation may	misspoke

**Case: Abdiqafar Wagafe et al. v Donald Trump, et al.**

<u>Page</u>	<u>Line</u>	<u>Now reads</u>	<u>Should read</u>	<u>Reason for change</u>
20	22	patent to employment	patent infringement to employment	clarity
262	8	even in -- it may be picked up indirectly	causally. The effect on referral to CARRP may be picked up indirectly	misspoke
262	9	causes B which	causes B	clarity
262	11	which in turn	which also	clarity
262	13	different causal links, and that's why,	different links, but we really want to know the causal links, but	clarity
262	14	as I told you, as a common, it's much	as I told you, it's much	clarity
279	9	parcel	partial	typo
285	2	two	to	typo
295	5	correctional effect,	directional effect,	typo
301	16	on the terrorist list	high on the GTD incidents	misspoke
303	7	home to data	HMDA	typo
303	8	home to data	HMDA	typo
307	8	would work against finding	would work in favor of finding	misspoke
307	11	underestimate its	overestimate its	misspoke
308	7	the data for the data	the data	misspoke
308	8	and the	and	clarity
308	9	coefficient -- and they're random, and	they're random than	misspoke
308	11	with terrorists incidents is overstated	with terrorist incidents	misspoke
317	21	ever	every	typo
318	19	disproportioning	disproportionately	clarity
321	15	report, since I can't get a database and	report, since I can't get a perfect database and have	misspoke
324	4	liking	likely	clarity
325	20-23	error. Okay? It's a correct--it's correct measurement, so just measuring what's correlated to that variable	error. Okay? The variable is correctly being measured. It's just including in its measurement the factor that's correlated with	clarity
329	4	account, but could	count, but could	typo
330	16	unprobable	improbable	clarity
332	5	bias	biased	typo

**Case: Abdiqafar Wagafe et al. v Donald Trump, et al.**

<u>Page</u>	<u>Line</u>	<u>Now reads</u>	<u>Should read</u>	<u>Reason for change</u>
20	22	patent to employment	patent infringement to employment	clarity
332	5	that a	that had a	clarity
332	24	Is it possible?	Is it probable?	clarity
333	4	going to	not going to	clarity
333	19	persuasive	pervasive	typo
333	22	date. I don't think	data. I don't think	typo
360	18	can anything	can think anything	clarity
361	12	██████████	██████████	clarity
361	14	██████████	██████████	clarity
365	8	one. Added or added (sic).	one.	clarity
384	5	that pole, whether	that poll, whether	typo
384	6	illegal	likely	clarity
384	13	interrupted	interpreted	misspoke or typo
387	22	conclusions	conditions	clarity
388	6	or do know the really affect	or do not in reality affect	clarity
394	23	they could that	they could be a	typo
396	18	statements we said,	statements he said	typo
397	15	to minimize the	to meet the	typo
401	1	it saves you the thing, but	it saves you resources, but	typo
401	6	know the instance	know the incidence	typo
414	21	appropriate	inappropriate	misspoke